

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

VILLAGE OF RIDGEWOOD
PLANNING BOARD
TUESDAY, OCTOBER 6, 2020
COMMENCING AT 7:30 P.M.
Via Zoom US Videoconference

.....
IN THE MATTER OF : TRANSCRIPT
HOPPER RIDGE CONDOMINIUM ASSOCIATION, : OF
INC.; Application for Preliminary and : PROCEEDING
and Final Site Plan, Soil Movement and :
Variance Approval. :

.....
B E F O R E :
VILLAGE OF RIDGEWOOD PLANNING BOARD
THERE BEING PRESENT:

- RICHARD JOEL, CHAIRMAN
- SUSAN KNUDSEN, MAYOR (EARLY DEPARTURE)
- JAMES VAN GOOR, MEMBER (ABSENT)
- DEBBIE PATIRE, MEMBER (ABSENT)
- LORRAINE REYNOLDS, MEMBER
- FRANCES E. BARTO, MEMBER
- MELANIE HOOBAN, MEMBER
- ALYSSON WESNER, MEMBER
- DIANNE O'BRIEN, MEMBER (RECUSED)

LAURA A. CARUCCI, C.S.R., R.P.R., L.L.C.
CERTIFIED COURT REPORTERS
P.O. BOX 505
SADDLE BROOK, NJ 07663
201-641-1812
201-843-3882 FAX
LauraACarucci@gmail.com

1 A L S O P R E S E N T :

2 JANE WONDERGEM, LAND USE SECRETARY

3 PETER VAN DEN KOOY, P.P., CME ASSOCIATES

4 MARYANN BUCCI-CARTER, P.P.

5 CHRISTOPHER J. RUTISHAUSER, P.E.

6 DYLAN HANSEN, IT Moderator

7

8 A P P E A R A N C E S :

9

10 MORRISON MAHONEY LLP
11 BY: CHRISTOPHER E. MARTIN, ESQUIRE
12 Waterview Plaza
13 2001 U.S. Highway 46
14 Parsippany, New Jersey 07054
15 Counsel for the Planning Board

16 DAVID L. RUTHERFORD, ESQUIRE
17 141 Dayton Street
18 Suite 203
19 Ridgewood, NJ 07450
20 201-652-8500
21 davidlrutherford@yahoo.com
22 Counsel for the Applicant

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

<u>W I T N E S S</u>	<u>SWORN</u>	<u>PAGE</u>
TIBOR LATINCSICS, P.E., P.P.	10	
Voir Dire Examination by Mr. Rutherford		11
Direct Examination by Mr. Rutherford		14

E X H I B I T S

<u>NO.</u>	<u>DESCRIPTION</u>	<u>IDENT./EVID.</u>
A-1	Plan Set, Last Revised August 10, 2020 (8 pages)	23
A-2	28 Photographs	23

1 CHAIRMAN JOEL: Next is Hopper Ridge
2 Condominium Association, application for major
3 preliminary and final site plan, soil movement and
4 variance approval.

5 This was carried from September 1,
6 2020, without further notice

7 MR. MARTIN: I think, Ms. O'Brien,
8 you're recused.

9 (Whereupon, Ms. O'Brien is recused
10 from this matter.)

11 MR. RUTHERFORD: Yes.

12 Good evening, everyone. Just for the
13 purposes of who will be participating, Tibor
14 Latincsics is our Professional Engineer and
15 Professional Planner.

16 I also arranged Ms. Carucci or her
17 representative to transcribe this evening's meeting.

18 As well as I know there are likely a
19 number of interested parties. I don't know if it's
20 the board's practice to bring them as participants,
21 or just the witnesses; is that how it works right
22 now?

23 CHAIRMAN JOEL: I haven't really had
24 many applications, but I guess you can examine your
25 witness, and then when it gets to cross from the

1 public, we can let people in.

2 Is that a problem, Chris?

3 MR. MARTIN: That's exactly how it
4 should be done.

5 Thank you, yeah.

6 MR. RUTHERFORD: Okay, that's fine.

7 Because I expect there -- I don't know,
8 but I believe there are likely a number of interested
9 parties here this evening.

10 CHAIRMAN JOEL: Okay.

11 MR. RUTHERFORD: I don't see
12 Mr. Latincsics yet.

13 Oh, here we are.

14 CHAIRMAN JOEL: Okay, I see him there.

15 MR. RUTHERFORD: Okay, Mr. Chairman,
16 then I'll proceed.

17 For the record, My name is David L.
18 Rutherford. I'm appearing tonight on behalf of the
19 Hopper Ridge Condominium Association, Inc.

20 The board will recall that we came --
21 my client came before the board for a concept review
22 a couple of years ago with respect to a project to
23 supplement and repair an existing crib-tie retaining
24 wall on the Hopper Ridge property.

25 You heard that night, and you'll hear

1 again tonight probably on a number of occasions, that
2 this is a need, not a want.

3 The existing railroad-tie retaining
4 walls have reached the end of their useful life and
5 they need to be repaired and supplemented.

6 And Mr. Latincsics is going to explain
7 to you this evening how that's going to be done.

8 That having been said, the idea
9 certainly is to create an aesthetically pleasing
10 wall. But these walls are essentially functional.
11 And you'll hear more about that this evening as we
12 proceed.

13 While there are some variances involved
14 relating to the height of the walls and the proposed
15 development in the riparian zone, I will submit to
16 the board that this is to a large extent an
17 engineering matter and not a planning matter.

18 Mr. Latincsics will explain to you that
19 the retaining walls will essentially not be visible.
20 I think Ms. Bucci-Carter agrees with this to a large
21 extent. She can certainly speak for herself, please
22 understand.

23 But they will really not be visible to
24 any extent from beyond the property. They will be
25 visible primarily to the residents and the unit

1 owners of Hopper Ridge.

2 So while it certainly does affect the
3 neighborhood to a certain extent, this is kind of a
4 self-contained project that the association must do
5 because these walls have reached the end of their
6 useful life and, as I indicated, need to be repaired
7 and supplemented.

8 I did file an Affidavit of Service --
9 or Certification, I should say, of Service and
10 Publication with Ms. Wondergem earlier today.

11 And recognizing the limitations of the
12 Zoom platform and the hour, my intention this evening
13 is to have Mr. Latincsics qualified as an expert in
14 the field of professional engineering and
15 professional planning and enable him to proceed in
16 narrative format.

17 I think the board's IT expert earlier
18 spent a fair amount of time with Mr. Latincsics to
19 try to help with the screen sharing, so hopefully
20 we'll be able to present our exhibits in an efficient
21 fashion that will enable the board to really
22 understand what we're trying to explain, and you'll
23 understand the project a lot better.

24 So unless the board has any further
25 questions of me, I'd like to have Mr. Latincsics

1 sworn and we'll proceed with testimony.

2 CHAIRMAN JOEL: Just one final order.

3 I guess Diane recused, so if she could
4 be removed off the screen just so it doesn't appear
5 that you're still up on, like, the dais.

6 MS. HOOBAN: We did lose Susan?

7 She had something to go and take care
8 of so, I guess...

9 MS. WONDERGEM: So we have five then,
10 right now, correct? Five members? One, two...

11 MS. HOOBAN: Yeah.

12 MR. RUTHERFORD: Five members, okay.

13 We want to proceed, Mr. Chairman, I
14 think for a number of reasons. I won't be surprised
15 if this matter does not conclude this evening.

16 As I indicated, while it doesn't
17 present tremendously complex planning issues, it is
18 complex from an engineering perspective and I know a
19 number of parties that are interested in it.

20 So I have arranged for the reporter, as
21 I indicated earlier, and I expect that we'll be able
22 to provide a transcript to the board so that those
23 members who are absent this evening will be able to
24 read the transcript and render themselves eligible.

25 MR. MARTIN: As well as the recording,

1 yes.

2 MR. RUTHERFORD: Yes. That's fine,
3 yes.

4 But it's easier to read a transcript.
5 I must say, though, listening to a recording can be a
6 bit tedious sometimes.

7 In any event, so with that having been
8 said, we'll have Mr. Latincsics -- I'm looking for
9 him. There he is. I'm sorry. If he can be sworn,
10 please.

11 MR. MARTIN: Mr. Latincsics, this is
12 Chris Martin. How are you?

13 MR. LATINCSICS: Okay.
14 How are you?

15 MR. MARTIN: Can you raise your right
16 hand?

17 Do you swear to tell the truth, the
18 whole truth, and nothing but the truth?

19 MR. LATINCSICS: I do.

20 T I B O R L A T I N C S I C S,
21 29 Church Street, Ramsey, New Jersey, having been
22 duly sworn, testifies as follows:

23 MR. MARTIN: For the record, give your
24 full name and your business address, please.

25 MR. LATINCSICS: Tibor Latincsics,

1 spelled T-i-b-o-r; last name, L-a-t-i-n-c-s-i-c-s.
2 Professional Engineer with the firm of Conklin
3 Associates, 29 Church Street, Ramsey, New Jersey,
4 where I'm located at the moment. Professional
5 Engineer License Number 32444. Professional Planner
6 License Number 3736.

7 I have provided testimony before the
8 planning board previously and on a routine basis for
9 planning boards throughout northwest Bergen.

10 MR. MARTIN: And you're a professional
11 engineer, correct.

12 MR. LATINCSICS: Correct.

13 MR. MARTIN: And you have a civil
14 engineering background.

15 MR. LATINCSICS: I have a Bachelor of
16 Science in Forestry from SUNY in Syracuse, a
17 Bachelor's of Science in Civil Engineering from NJIT,
18 where I have and am currently serving as adjunct
19 professor.

20 MR. MARTIN: You've been involved in
21 projects in terms of design and engineering of
22 foundational and wall-related activities for soils
23 and, you know, to prevent erosion and protection,
24 correct?

25 MR. LATINCSICS: Yes.

1 Conklin Associates prepared the
2 eight-sheet plan set before you. That includes the
3 original survey work. We had the soils -- for the
4 soils. And I have the project manager and the
5 project engineer on the development of this project.

6 MR. MARTIN: And so qualifies tonight
7 as a professional engineer.

8 Go ahead, Mr. Rutherford.

9 MR. RUTHERFORD: Yeah, Mr. Martin,
10 thank you for that.

11 VOIR DIRE EXAMINATION

12 BY MR. RUTHERFORD:

13 Q. Mr. Latincsics, you are also a licensed
14 professional planner in the State of New Jersey.

15 Is that correct?

16 A. Correct.

17 License Number 3736.

18 Q. And you've been qualified in the past
19 before planning and zoning boards in New Jersey as an
20 expert in the field of professional planning?

21 A. Yes.

22 Including the Ridgewood Planning Board.

23 Q. Your voice -- I don't know what the
24 issue is. Your voice -- I don't know if anyone else
25 is experiencing this.

1 Your voice is, kind of, fading in and
2 out a little bit.

3 MR. RUTHERFORD: Can everyone else hear
4 Mr. Latincsics?

5 Thank you.

6 And I'll ask Mr. Hansen, I just want to
7 make sure my court reporter is also -- she's been
8 logged in so she can transcribe this?

9 IT MODERATOR: She's able to listen in.
10 I can bring her in as a panelist.

11 MR. RUTHERFORD: I don't need her as a
12 panelist.

13 I just want to make sure she can hear
14 us.

15 MS. REYNOLDS: What's her name?

16 IT MODERATOR: Reinstein.

17 MS. REYNOLDS: She just put something
18 on the screen saying she's having trouble hearing.

19 MR. RUTHERFORD: Oh, I'm sorry, okay.

20 IT MODERATOR: I think she's having
21 trouble hearing Tibor.

22 MR. LATINCSICS: What can I do from my
23 end? (Audio Distortion.) Am I allowed to share the
24 screen? (Audio Distortion.)

25 MR. MARTIN: Tibor, we can't hear you

1 now.

2 And I have a threshold question for
3 Mr. Rutherford.

4 Mr. Rutherford, he's been qualified as
5 a professional engineer.

6 Do you also want him to be qualified --

7 MR. RUTHERFORD: Yes.

8 MR. MARTIN: Based upon your voir dire,
9 he's also qualified as a professional planner.

10 Now the more troubling issue. The
11 court reporter and audience member and I think the
12 Chair are having trouble hearing him.

13 MR. LATINCSICS: Is that better now?

14 IT MODERATOR: Yeah, a little bit
15 better.

16 If you want to, you can dial in the number that will
17 attach it to your video.

18 MR. LATINCSICS: That would be on my
19 iPhone.

20 IT MODERATOR: Yeah.

21 You can do it from any phone. But if
22 you click down in the left-hand corner by where the
23 microphone is, there's a little up arrow and it says,
24 "Switch to phone audio," and it will give you a phone
25 number and a code to dial in with.

1 (Whereupon, off-the-record discussion
2 is held.)

3 DIRECT EXAMINATION

4 BY MR. RUTHERFORD:

5 Q. Mr. Latincsics, just a few preliminary
6 questions.

7 You filed this application on behalf of
8 our client under cover of your letter dated May 21,
9 2020

10 Is that correct?

11 A. Correct.

12 Q. And you included a number of exhibits
13 in there.

14 MR. RUTHERFORD: Mr. Martin, does the
15 board consider the application and related materials
16 to be part of the record?

17 CHAIRMAN JOEL: He stepped away for a
18 second.

19 MR. RUTHERFORD: Okay. Then we'll
20 circle around, then. We'll come back to that. Let's
21 move forward.

22 BY MR. RUTHERFORD:

23 Q. Mr. Latincsics, perhaps you can give
24 the board just for the moment just a very brief
25 overview of this application, and then we'll start

1 getting into the existing conditions, the description
2 of the property and what's being proposed?

3 A. Okay. And I would like to share the
4 screen with Plan Sheet Number 1, which I've just
5 opened as a PDF.

6 Does everybody else have Sheet 1 of
7 8 in front of them?

8 (No response.)

9 MR. LATINCSICS: I will take that as a
10 no.

11 Does everybody have Sheet 1 of 8 in
12 front of them?

13 MS. REYNOLDS: Sheet 1 of what?

14 MR. LATINCSICS: Plan Sheet 1 of 8.

15 MR. RUTHERFORD: Yeah, the board has
16 it.

17 MR. LATINCSICS: Who mounted it? I
18 shared it or Dylan shared it?

19 I'm moving the cursor left to right.

20 IT MODERATOR: You didn't share it yet.
21 You have to share your screen.

22 MR. LATINCSICS: Excuse me?

23 I have to share my screen?

24 IT MODERATOR: Yeah.

25 MR. LATINCSICS: I'm hitting Share

1 Screen, and I go to my PDF.

2 Am I sharing the screen now?

3 IT MODERATOR: No. It's not showing
4 up.

5 MR. LATINCSICS: It's not, okay.

6 MS. BUCCI-CARTER: You have to hit
7 Share Screen twice.

8 You hit Share Screen when it's green at
9 the bottom and then you have to hit it again when the
10 small window pops up.

11 MR. LATINCSICS: Okay, Dylan, can you
12 mount -- can you put up Sheet 1 of 8.

13 IT MODERATOR: I'm trying to open it.
14 Hold on.

15 MR. LATINCSICS: I just shared it. Is
16 it...

17 IT MODERATOR: Sheet 1, okay. I'm
18 going to share mine.

19 Can everybody see it now?

20 MR. RUTHERFORD: There we go.

21 MR. LATINCSICS: Yeah, okay.

22 I'm moving my cursor back and forth.

23 Everybody can see that?

24 IT MODERATOR: No, because I'm sharing
25 it.

1 You're not sharing it.

2 MR. LATINCSICS: Okay. Well, okay,
3 this is Plan Sheet 1 of 8. And going right into
4 this.

5 It's 36 Hopper Ridge. The townhouses
6 are located on Lot 3, Block 4104. The overall site
7 is 10.3 acres, at the end of Durar Avenue.

8 The original Planning Board preliminary
9 approval is dated February 15th, 1983.

10 The final site plan was approved in
11 post-construction in 1990. It is located in the R-1A
12 Zone.

13 There is a key map in the upper
14 right-hand corner. If you could pan out, Dylan, in
15 the upper right-hand corner there is a key map
16 showing the proximity of the 10.3-acre site to
17 Prospect Avenue, Cedar Avenue to the north, Irving to
18 the east, and so forth.

19 A major feature of the site are three
20 on-stream or on-storm sewer detention basins through
21 which a tributary of Ho-Ho-Kus Brook flows.

22 Dylan, if you could pan out to the body
23 of the plan sheet? And as a practical matter -- and
24 those three detention basins are located generally
25 speaking in the center of the site.

1 And clustered about the detention basin
2 and taking advantage of the view are the 36
3 townhouses in clusters of four. There are nine
4 buildings each with four units in them.

5 These townhouses are held up by
6 landscape tie-crib retaining walls, which I'm
7 tracing.

8 We have named these detention ponds:
9 The lower detention pond, the middle detention pond,
10 and the upper detention pond.

11 And these townhouses are perched above
12 these detentions ponds atop dated landscape tie-crib
13 walls.

14 And I emphasize the term landscape
15 ties. They are not railroad ties, but they are
16 southern yellow pine CCA treated landscaped timbers,
17 not railroad ties.

18 And they are a crib wall, meaning that
19 -- think of it as Lincoln logs. The wall system
20 actually extends 11 feet behind the face of the wall.

21 So there are numerous challenges. And,
22 yes, they are past their useful life. And we sent
23 some photographs.

24 But there are some major challenges to
25 the project. And the replacement is a need, not a

1 want. They are past their useful life, in some cases
2 rotting, buckling and separating.

3 The walls are between a rock and a hard
4 place. The rocks are the on-stream or on-storm
5 sewage detention ponds. And behind the wall -- just
6 a few feet behind the wall is the project, Hopper
7 Ridge.

8 That's this concrete sewer pipe that
9 carries sewage from the various units to the main
10 trunk line which then connects to the 16-inch
11 Ridgewood line that runs through the middle of the
12 site.

13 So working around the pond and not
14 damaging the concrete sewer pipe is a major
15 challenge. Adding to the challenge is that these in
16 some cases -- for example, if we take 4 Kira Lane,
17 this wall extends -- the wall systems, again think of
18 it as a Lincoln log retaining wall backfilled with
19 crushed stone.

20 The wall system extends 11 feet behind
21 the wall, behind the face of the wall. In there you
22 have the sewer line. And in some cases the
23 individual patios or decks, as it may be, overlap the
24 wall system.

25 So these are some major challenges.

1 Pardon the expression. The gorilla in the room is
2 the access. It's just a very, very difficult
3 location to access in between the townhouse units and
4 the ponds. We have slopes to contend with, the ponds
5 themselves, and working around the units, the sewer
6 line, the patios, the decks, landscaping, et cetera.

7 Added to this is -- the part of the
8 project that's separate and distinct from the walls
9 is the dredging of the ponds. That's more of a want
10 than a need.

11 But as a practical matter to construct
12 the walls, there will be some necessary -- by the
13 time one is mobilized, lower the ponds, erosion
14 control measures, access, restoration and all those
15 elements, it is good planning to accommodate for the
16 dredging of the ponds. And the want or the need
17 decreases as you go downstream.

18 The drainage area to the pond is
19 approximately 67 acres. It is the Ridgewood
20 municipal stormwater system going from Cedar Avenue
21 to the north pond. The north pond traps most of the
22 silt. The upper or the north pond then drains to the
23 middle pond. Less silt is carried over. Then
24 there's a 48-inch culvert on Durar Ave, which then
25 drains to the lower detention pond. And then

1 ultimately that drains to a tributary of Ho-Ho-Kus
2 Brook.

3 As a practical matter, these detention
4 ponds are oversized for the actual Hopper Ridge
5 project. They provide what -- I call it a regional
6 benefit for the entire 67 to 70 acres of upslope
7 areas that drain to the three detention ponds.

8 Then added to these actual physical and
9 engineering challenges are environmental challenges
10 in that there is a riparian zone associated with
11 these three detention ponds, even though they're
12 manmade.

13 Actually, the original ditch, as
14 indicated on Plan Sheet 1 -- I'm tracing it -- it
15 started from Cedar Avenue, meandered through the
16 site, and drained north to south. That original
17 ditch's remnants are still onsite.

18 It is now dry and was replaced by the
19 three manmade detention ponds that provided an
20 aesthetic and stormwater management benefit.

21 That's an overview of the site and the
22 challenges. The repair of the walls is an absolute
23 need. And what is proposed -- and if we can pull up
24 Plan Sheet 2 and get started here.

25 Or, actually, Dylan, if you don't mind,

1 if you can mount the photographs, I can walk through
2 the photographs because I think the visual is very
3 important.

4 MR. MARTIN: Mr. Rutherford, do you
5 want to mark the photographs collectively?

6 MR. RUTHERFORD: Yes. Thank you,
7 Mr. Martin.

8 I wanted to move back just a moment
9 ago.

10 BY MR. RUTHERFORD:

11 Q. Mr. Latincsics, you were referring in
12 your testimony just a few moments to Sheet 1 of the
13 plan set, correct?

14 And that's the plan set -- I think you
15 said it consists of eight pages and it was last
16 revised on August 10, 2020?

17 Is that correct?

18 A. That is correct.

19 Sheet 1 of 8 is an Overall Existing
20 Conditions Plan Sheet. And I neglected to mention
21 that the cubital length of those landscaped tie-crib
22 walls is 600 feet long with heights approaching 12.6
23 feet in selective locations. It's a variable height
24 wall.

25 And if we could proceed through the

1 photographs?

2 MR. RUTHERFORD: So just to go back.
3 Just to keep the record neat, please. Mr. Martin,
4 we'll mark as Exhibit A-1 the plan set, the
5 8-page plan set revised August 10, 2020, if you
6 please.

7 (Whereupon, Plan Set, Last Revised
8 August 10, 2020, consisting of eight, is
9 received and marked as Exhibit A-1 for
10 identification.)

11 MR. RUTHERFORD: And the photographs,
12 we can mark as A-2.

13 (Whereupon, 28 Photographs are received
14 and marked as Exhibit A-2 for identification.)

15 BY MR. RUTHERFORD:

16 Q. Mr. Latincsics, I looked online earlier
17 on the Village website. I think there are 28
18 photographs.

19 Am I correct?

20 A. Yes.

21 MR. RUTHERFORD: Okay. So, Mr. Martin,
22 then A-2, if you please, will be the plan set. This
23 was filed with the application, 28 photos, as A-2.

24 MR. MARTIN: Right. A-2 is the
25 photographs, David, and A-1 is the plan set.

1 MR. RUTHERFORD: That's correct. Thank
2 you.

3 MR. LATINCSICS: Okay. Is there a way
4 I could scroll through the photographs, or we'll just
5 take these one by one.

6 MR. RUTHERFORD: If we could figure out
7 how to get you to share your screen we could do that;
8 otherwise, we need Dylan's assistance, I believe.

9 MR. LATINCSICS: Okay. I hit Share
10 Screen. You cannot -- okay, someone else is sharing.

11 IT MODERATOR: I can stop sharing.
12 I'll stop sharing and you can share.

13 There, you can try sharing.

14 MR. LATINCSICS: Okay.

15 Now, I'm sharing and I lost the
16 photographs. Let me see if I can pull mine up.
17 Please bear with me. Okay.

18 Does everybody see the photographs?

19 IT MODERATOR: No. You have to hit
20 Share again. Share Screen, choose the photographs,
21 and then Share again.

22 MR. LATINCSICS: Okay, I hit Share
23 Screen.

24 IT MODERATOR: Yep. And now you'll see
25 a layout of what you can share that's open on your

1 computer.

2 Choose the Photograph 1. And then on
3 the bottom right-hand corner hit Share.

4 MR. LATINCSICS: Okay. The problem is
5 the tool bar for Share disappears on me.

6 IT MODERATOR: No.

7 It's part of the window. It's no
8 longer part of the tool bar. It's part of the
9 window. On the right-hand corner of that window it
10 says "Share."

11 MR. LATINCSICS: In the right view of
12 this window it says Share. It does not.

13 Yeah, I have Photograph No. 1 up. No
14 one else has that up.

15 IT MODERATOR: No.

16 Do you want me to go back to my share?

17 MR. LATINCSICS: Let me give this one
18 more try here. I thank you for your patience.

19 MS. REYNOLDS: Can I ask a question of
20 the Chair?

21 Richard, a resident, I believe, just
22 wrote a message on the screen. He wanted to be able
23 to see the pictures. Are they on the website
24 anywhere?

25 CHAIRMAN JOEL: Dylan, are those

1 posted?

2 IT MODERATOR: They're all posted on
3 the Village website under Planning Applications.

4 MS. REYNOLDS: Okay.

5 MS. HOOBAN: They've been there, I
6 guess, what since --

7 MR. LATINCSICS: Okay, Dylan, if you
8 could please share the photographs.

9 BY MR. RUTHERFORD:

10 Q. Mr. Latincsics, maybe what you can do
11 is say what you want to say about each photo, and
12 then Dylan can go to the next one.

13 A. Okay.

14 Unfortunately, I'm not seeing the
15 photographs now.

16 IT MODERATOR: Go to your Zoom window
17 and it should be me sharing the photos.

18 MR. LATINCSICS: Okay. Sheet
19 Photograph No. 1, does everyone see it.

20 IT MODERATOR: Yes.

21 MR. LATINCSICS: Okay. Photograph No.
22 1, I'm looking directly down Durar Avenue.

23 We are looking west and east in the
24 photograph. It just indicates Durar Avenue I'm
25 pointing to the low point.

1 This is the middle detention basin to
2 the north, the lower detention basin to the right.
3 There's a cross culvert here.

4 Next photograph, please. Number 2.

5 Again, this is the low point of the
6 roadway. There's a culvert under here connecting the
7 two, the middle and lower detention ponds.

8 Next. Next photograph, please.

9 Okay. This is looking across the
10 middle detention pond to the retaining wall obscured
11 with the townhouses perched above the current
12 retaining walls above the middle detention pond.

13 Next photograph.

14 Just to get everybody a sense for the
15 site.

16 Next photograph, please.

17 Okay. This is looking southerly down
18 the middle detention pond. You can see I'm pointing
19 to the two-tier crib retaining wall. There is the
20 lower tier and the upper tier. These are the
21 stockade fences about the patios or decks to the
22 immediate rear of the townhouse units. And they are
23 as close as 2 or 3 feet to the top of this wall.
24 There is a sewer line right behind this wall.

25 Next photograph, please.

1 Again, this is looking at the retaining
2 wall behind Kira Lane, a two-tiered wall, and the
3 slope leading down to the middle detention pond.
4 This is a stockade fence about a patio or a deck to
5 the rear of a townhouse unit.

6 Next photograph, please.

7 And, again, this is to give a sense --
8 a sense of the site.

9 Again, looking directly down, there is
10 a retaining wall, the lower tier, the upper tier.
11 The sewer line is running right here.

12 And this is a stockade fence around the
13 patio or deck. And you can start to understand the
14 challenge, because this wall actually extends -- the
15 wall system extends 11 feet behind the face of the
16 lower wall.

17 Next photograph, please. Thank you for
18 your help here.

19 Again, more -- now these photographs
20 are actually four years old from when we started this
21 project. One thing is very evident in that the
22 quality of the landscape ties of the lower wall were
23 much better than the upper wall because the upper
24 wall is in much worse condition in terms of
25 deteriorating.

1 Next photograph, please.

2 Again, more of the same.

3 Next photograph. You can see some more
4 deterioration. This is actually four years ago.
5 It's much worse now. Keep going.

6 Next photograph, please.

7 This is looking north. It gives you a
8 better sense of the scale of the wall. As you
9 proceed to the north, the wall gets taller. Each of
10 these ties are 5-and-a-half inches. The two ties are
11 roughly a foot. Keep going.

12 This is an interesting point. This is
13 actually a 24-inch maple growing out of the top of
14 the wall. This is between Units 43 and 47. This
15 wall was finished, I believe, about 1988. So it
16 gives you a sense of how long it takes a 24-inch tree
17 to grow if it gets adequate sunlight.

18 Next photograph.

19 And pretty amazing. It's starting to
20 buckle the wall now. It's pretty amazing that it's
21 not buckled the wall.

22 Photograph No. 11, this is an angle
23 point in the wall as we go from the middle detention
24 pond to the upper detention pond. This is Number 47
25 Kira Lane. This is sort of ground zero, the most

1 challenging in terms of the wall height. Right here
2 the wall is 12.6 feet tall. Very challenging to
3 access topography.

4 Obviously this tree is one of the trees
5 that needs to be cut down. There is no disturbance
6 to the vegetation atop the walls.

7 I do have some recommendations. For
8 example, this tree is a real safety issue, but that
9 is separate and distinct from the wall construction.
10 Next photograph, please.

11 This is the outside of the right angle
12 turn where right behind 47 Kira Lane we are
13 transitioning to the upper or the northern detention
14 pond. This is where the walls narrow down directly
15 across from the pool.

16 Next photograph, please.

17 Again, this is a very problematic area.
18 And there is a sewer manhole right -- actually, if
19 you go back to that prior photograph, there is a
20 sewer manhole right behind this wall.

21 There is a sewer line and man -- right
22 back here there is a sewer manhole where the sewer
23 also is running parallel to this wall, makes a turn
24 parallel to this wall, makes another turn. A very
25 sensitive situation.

1 Next photograph, please.

2 And this is where the ponds and the
3 walls narrow down. I nicknamed this the Straits of
4 Gibraltar. This is the wall holding up the pool and
5 the common element. This is the wall holding up the
6 Kira Lane units. There is a corrugated metal pipe
7 culvert under this. This is just scrap metal here.
8 But there's actually a corrugated metal culvert under
9 this that is pretty much clogged and needs to be
10 repaired.

11 Next photograph, please.

12 This is the wall behind 43 to 49 Kira
13 Lane at the upper detention pond. And you can see
14 that there's a narrow band of land in between the
15 two.

16 Next photograph, please.

17 And, again, here the wall is
18 effectively 10- to 12-foot tall, and it terminates as
19 a concrete wall at the north end.

20 Again, this is just to give a sense of
21 these walls. Again, this is a privacy stockade
22 fence. And you can see the small separation between
23 the privacy fence, which there is a deck right
24 behind. And that sewer line again is running
25 parallel to the top of this wall.

1 What we are proposing is in the repair
2 and supplement of this wall is, in front of this wall
3 would be a geogrid reinforced split-faced textured
4 concrete modular block wall.

5 The specific manufacturer is Keystone.
6 This new wall would be 12-foot in front of this wall
7 just inside the water line. And we'll slope --
8 backfill the area between the walls as a 3-on-1 slope
9 to the new wall.

10 When we pull up the additional plan
11 sheet we'll provide additional detail.

12 Next photograph, please.

13 Again, this is looking again down the
14 face of the wall.

15 And when the question comes up, why
16 can't we replace the wall in its current location?
17 Imagine you're standing at the base of this wall. We
18 would have to excavate into this embankment.

19 Behind that embankment is the sewer
20 line, patios, decks, fences. And that would all come
21 tumbling down.

22 So to repair the wall is a supplemental
23 wall in front, 12 foot in front of the upper wall, to
24 allow -- so that we do not have to excavate into this
25 embankment. You can appreciate the challenges in

1 that regard.

2 Next photograph, please.

3 Again, imagine you're -- and in order
4 to appreciate this, you have to stand down here and
5 look up at the top of this wall. And you have that
6 sense of the condition of this wall. You can see
7 ties are moving. They're buckling. They're
8 deteriorating.

9 To excavate into this would put the
10 sewer line and all these physical improvements in
11 danger. We do not want this project to morph into a
12 sewer replacement. That is -- that obviously would
13 be a significant challenge.

14 Next photograph, please.

15 And this is just looking down through
16 the narrows towards the middle detention pond. Keep
17 going.

18 Next photograph, please.

19 But you can see -- again, these
20 photographs are six years old. But you can see the
21 movement in the ties. It is deteriorating.

22 Photograph number 19 is looking east
23 across the upper detention pond. That is the
24 discharge -- the corrugated metal pipe storm sewer
25 discharge of the municipal storm sewer system into

1 the upper detention pond. And that vegetation about
2 that is a bamboo grove at that locale.

3 Next photograph, please.

4 Photograph Number 20 is a photograph of
5 the two-tiered wall which supports the pool and the
6 clubhouse. And you can understand the challenges of
7 just getting to this location, you know. And we'll
8 discuss the access when we review the plans.

9 Next photograph, please.

10 Thank you for patience. If people have
11 seen enough photographs, cut me off. We do have the
12 lower wall, too. More of the same.

13 Next photograph.

14 Okay. This is the lower sawtoothed.
15 It's a zigzag pattern which mimics the footprint of
16 the townhouses. And shows the lower detention pond
17 in the foreground.

18 This is a lower -- the maximal height
19 of this wall is 6 feet. And, however, this slope,
20 this is almost a 50-degree slope. It is difficult to
21 walk here, let alone work to replace these walls.

22 The lower wall, by nature of its
23 sawtoothed construction, is in better condition than
24 the upper two walls.

25 Again, we have the same situation of a

1 sewer line right behind this wall. These stockade
2 fences are the privacy fences for patios and decks.
3 You can see they come up almost right to the wall.

4 Next photograph, please.

5 And, again, this is looking northerly.
6 You have a sense of the slope. We have absence of
7 vegetation. Actually, the slope is so steep,
8 precious little grows there. And we will be
9 discussing the tree removal and the supplemental
10 plantings as part of the project.

11 Next photograph, please.

12 Again, this is looking at -- looking
13 northerly. And you can see how much more attractive
14 the lower detention pond is, just from a water
15 quality perspective. Due to the silt settling out in
16 the upper detention pond, whatever remains in the
17 middle detention pond, that is all to the benefit of
18 the lower detention pond and ultimately the
19 downstream water courses and the Ho-Ho-Kus Brook to
20 which this system ultimately drains.

21 Again, you have a sense here. This is
22 a privacy fence. Right behind here is a patio or a
23 deck, and yes, a sewer line.

24 Next photograph, please.

25 And, yes, there you go, there is a

1 sewer manhole. This is actually right in front of
2 the wall where it terminates.

3 In this case, the sewer line is running
4 underneath the wall. This photograph is four years
5 old, but you can see the condition of that landscape
6 tie.

7 Next photograph, please.

8 This is the outlet control structure,
9 which is basically a 2-foot-wide weir. This is the
10 upstream end of the outlet control structure for the
11 lower detention pond.

12 Next photograph, please.

13 And that is the outlet end of the
14 detention basin. You can see typically this will
15 flow out under -- only under major a rainfall event.

16 And, yes, 2018 was our wettest year on
17 record. So it was probably a more contiguous flow
18 during 2018, early 2019.

19 But on a typical day there is no
20 outflow from these detention ponds. And I believe
21 there's one last photograph.

22 That is again a view of the outlet
23 control structure. This is the berm. You can see
24 the absence of vegetation.

25 Dylan, if you could -- if we could go

1 back to Plan Sheet Number 1, I'll just quickly
2 review, you know, what we've reviewed visually on
3 Plan Sheet Number 1. Okay.

4 So again starting at the lower
5 detention pond, this is the outlet control structure.
6 This is the outlet control structure. Hopper Ridge
7 owns this wooded area below. This is the sawtoothed
8 lower wall I was referring to. Thankfully there is
9 no wall with this upper unit.

10 Then we have Durar Avenue, the cross
11 culvert. We have the middle detention pond where we
12 have the wall.

13 That is that angled corner I was
14 discussing. Directly across is the pool and the
15 clubhouse with -- I'm tracing the wall that is being
16 held up. This wall is a 130 feet long. This is the
17 primary wall. That is 350 feet long.

18 We have the upper detention pond with a
19 storm sewer discharge from the Village storm sewer
20 system, which runs from Cedar Avenue to -- the storm
21 sewer runs from Cedar Avenue.

22 There is a silt chamber here to collect
23 the silt. And then it runs to this discharge point,
24 which was in the photograph.

25 We have the benefit of an access road

1 here, which I'm tracing. That access road, there is
2 a curb cut, a concrete apron, that leads to an access
3 road which also doubles as emergency access, and yes,
4 a major 16- -- 15-inch concrete sewer line, which is
5 a trunk line for the Village, runs through an
6 easement.

7 This was the former Hopper Avenue that
8 was vacated as part of the overall project. The
9 private Hopper Ridge storm sewer system ultimately
10 connects to this 15-inch ACP pipe.

11 So, Dylan, if you could bring up Plan
12 Sheet 2.

13 And Plan Sheets 2, 3 and 4 focus on
14 portions of the site. This is again Plan Sheet 2.
15 And again, we have our access roadway. And in burnt
16 orange is the proposed wall.

17 And access to the project will be via
18 Durar Avenue certainly, and the access roadway
19 leading out to Cedar Avenue. And we are clearly --
20 and I'll be detailing soil movement -- soil movement
21 quantities.

22 We have a major soil movement
23 application filed. But we identify stockpile areas,
24 whether it's for dredging. The walls are backfilled
25 with crushed stone.

1 Now, I'll provide a summary of
2 quantity.

3 But, for example, the replacement wall
4 is 6,200 square feet. That is 160 pallets of
5 concrete block, which vary from 69 to 77 pounds per
6 block. We need a place to put those 160 pallets over
7 a period of time.

8 One question we anticipate is certainly
9 the period of construction. This is a 3-month
10 project with good weather conditions. And we will
11 need stockpile areas to supply the project.

12 Dylan, if you could pan out to the
13 overall project, I will identify stockpile areas.

14 One stockpile, stockyard, stockpiling
15 area I'm tracing, it is the south -- excuse me --
16 northeast corner of the site at the end of the access
17 road.

18 There is a wooded area for pachysandra
19 ground cover. We identify a stockpile, stockyard
20 area with a limit of disturbance with erosion control
21 measures. And it's about 2,700 square feet.

22 And the second stockpile area is
23 immediately adjacent, right at the end of the access
24 roadway of the northern or the upper detention pond.

25 This is -- if you could pan out. This

1 is a cross-section detail of the proposed Keystone
2 wall. And I'm pointing to it now.

3 Dylan, if you could zero in on that?

4 And I think everybody is familiar with
5 the modular segmental concrete block walls. There's
6 different manufacturers: Keystone, Allan Block, and
7 others. This is technology which really became
8 available in the mid-80s.

9 I'll tell you, it was unfortunate that
10 landscape ties were utilized for these walls. Nobody
11 has built major retaining walls with landscape ties
12 since the late '80s, early '90s, due to the advent of
13 the modular block walls. They are significantly
14 superior.

15 Keystone was probably the best known.
16 It's one of the many manufacturers. And the reason
17 we have specified them is that they were only pin
18 system. They patented the pins. The other block
19 manufacturers rely on grooves and other methods to
20 interlock. The pins are still the best.

21 And probably people are very familiar
22 with what I call the "original Keystone," which is a
23 waffle weave. We see them everywhere. And they have
24 responded to the marketplace, and there's many
25 aesthetic options available now: Beveled edges,

1 Victorian edges.

2 In this case, we have specified a
3 standard compact block, wood-faced. It has a
4 textured finish to it. And a blended color, meaning
5 it's a swirled color of brown and gray, and very
6 attractive. Ultimately, I think that's an aesthetic
7 decision on the part of the Homeowners Association.
8 I have recommended the tan, which is very popular due
9 to its -- it's very aesthetic. It's a blend of
10 swirled brown and gray and blends in with the
11 landscape.

12 A key element with these walls is the
13 geogrid, which is the geogrid deadman. It really is
14 very similar to the Lincoln log crib wall, but it is
15 significant better. That is really the key element
16 of these retaining wall systems with proper backfill
17 and compaction, particularly due to the location of
18 these walls. Yes, there will be heavy equipment
19 here, excavating equipment, skid steerers, to move
20 the pallets.

21 But ultimately all 6,200 blocks have to
22 be picked up, put in position by hand, and secured.
23 And Chris will certainly advise us. It's all about
24 the quality of the fill and the compaction behind the
25 wall.

1 The current wall has no fall protection
2 whatsoever. We specify a split-rail fence atop the
3 wall for fall protection, which is a significant
4 improvement. And there will be a 3-on-1 slope, one
5 vertical, 3 horizontal, or basically a 30-degree
6 slope from the new -- from the wall repair, the new
7 wall, to the existing wall, and 12 foot in front of
8 the existing wall system.

9 Dylan, if you can go to Plan Sheet
10 Number 3, which is similar to Plan Sheet Number 2,
11 but we zero in on the construction site even more.

12 IT MODERATOR: Okay.

13 MR. LATINCSICS: Okay, thank you. I'm
14 now tracing -- this is the -- I'm tracing the wall
15 repair. And this wall, this middle wall, is 276 feet
16 long. And you can see it has curves, which are one
17 aesthetic. Two, these wall systems work really well
18 with curves, and they're also structurally more
19 stable with curves. Right-angled curves, right
20 angles, acute angles are always a problem and a
21 challenge.

22 And, yes, these walls -- I should have
23 mentioned it. I'm sure we have a detail on this
24 plan. The walls have a batter. One inch for every
25 8 inches of vertical rise, the wall has a back

1 batter, which again adds to the aesthetic of the
2 wall, particularly with the blended block. A
3 vertical wall always appears as if it's leaning
4 forward no matter. Really it's an optical illusion.
5 These walls have an inherent batter built into the
6 wall system.

7 So the middle wall is 276 feet long.
8 The upper wall is 174 feet long. The next plan sheet
9 will show the lower wall.

10 But let me just while we're -- okay.
11 The maximum height of the -- this wall has a maximum
12 height of 10 feet to the immediate rear of these --
13 of 43 to 49 Kira Lane.

14 In this location, we are asking for a
15 variance from your wall height ordinance. Let me
16 take a step back.

17 We are asking for -- I believe this is
18 ultimately classified as amended soil movement --
19 excuse me -- an amended site plan.

20 We are amending the original 1983 site
21 plan approval; we're modifying it. And yes, we have
22 a major soil movement application associated with
23 this amended site plan application. And I'll get
24 into soil movement quantities.

25 But to be clear, the current wall is

1 12.6 feet tall at its highest in this area. Again,
2 this is our pinch point here, the most -- or the
3 gorilla.

4 It's the largest, the Strait of
5 Gibraltar. We have the higher wall, the most
6 difficult access with a sewer manhole here and a deck
7 right behind the wall. This is where our most
8 significant challenge is.

9 The proposed wall -- again this wall
10 goes from the zero height at the end. It transitions
11 up to 12.3 feet here, approximately 10 feet here, and
12 then transitions down to zero here.

13 BY MR. RUTHERFORD:

14 Q. Mr. Latincsics, we're not seeing your
15 arrow, I don't think. I'm not seeing it anyway.

16 A. Okay. I have my arrow pointed at the
17 southern --

18 Q. Okay, I see it now. Thank you.

19 Okay, there we are. I see it now.

20 Thank you.

21 Go back and say that again, please. I
22 don't want to make you repeat but --

23 IT MODERATOR: That's my arrow that I'm
24 pointing. It's not his.

25 MR. RUTHERFORD: I'm sorry, okay.

1 MR. LATINCSICS: Okay.

2 My arrow is at the south end of the
3 middle detention basin wall. At this point the wall
4 is medium grade, zero height.

5 If you remember those photographs that
6 we saw, the wall climbs in height.

7 In this area here, the wall currently
8 is 12.6 feet tall. We are proposing a 12.3-foot-high
9 wall here. I'm asking for a foot variance to --
10 there's always field conditions and field
11 modifications.

12 The wall is approximately 10-feet
13 tall here, and then transitions down back to zero
14 where we meet grade in front of the clubhouse.

15 If I go to the north wall, which is 174
16 feet long.

17 And, interestingly, the upper wall on
18 and the lower wall are the same exact length, both
19 174 feet.

20 It surprised us so we double-checked it
21 and triple-checked it. This wall is 174 feet,
22 starting at zero, climbing up to 10 feet, and then
23 transitioning to meet back to the concrete retaining
24 wall at the north end of 49 Kira Lane that we're
25 meeting.

1 But we have wall heights identified
2 here.

3 Now, an element of this is, yes, we are
4 going to replace this culvert, and we are curving
5 these walls. And if you remember from the prior,
6 there's pretty much that very narrow -- this is all
7 backfilled with crushed stone.

8 We are backfilling this area and
9 landscaping this area.

10 And, yes, ultimately this gray shaded
11 area is portions of the existing detention pond that
12 we are filling in to accommodate this wall
13 construction.

14 There is an obligation to compensate
15 for this area by expanding the pond. That obligation
16 is twofold. DEP has a general policy that you do not
17 fill state open waters. And even though that these
18 are manmade detention ponds, they are classified as
19 state open waters.

20 And while they're very robustly
21 designed, they provide detention capacity. And that
22 detention capacity is directly related to the surface
23 area of the detention ponds. So there's an
24 obligation to whatever we're filling in expand an
25 equivalent area.

1 And that is the deep blue shaded area
2 where we are expanding primarily -- proposing to
3 expand the upper detention pond. And there's a
4 number of benefits to that.

5 Certainly the upper detention pond is
6 the most effective in terms of stormwater detention
7 and collecting the silt and the debris that comes
8 down the municipal system to the benefit of the lower
9 -- the middle and lower detention pond and downstream
10 water courses.

11 And, yes, the topography is most
12 favorable and the access is most favorable to expand
13 the upper detention pond.

14 For example, if you're familiar with
15 the site, could we expand the upper detention pond
16 here?

17 But then this is a fairly steeped --
18 I'm pointing with my cursor to the lower portion of
19 the middle detention pond. One could legitimately
20 ask, well, why can't we expand the pond here? The
21 answer is, we have this steep slope here, and also
22 now we are building retaining walls to hold up that
23 steep slope, and we have more than enough retaining
24 walls to build.

25 If we can go to Plan Sheet Number 4,

1 that we will focus on the lower wall. And I note
2 that it's 9:30.

3 How much more time do we have?

4 Okay. I have my cursor pointed at the
5 outlet control structure.

6 Can everybody see that? I'm wiggling
7 my cursor.

8 And I'm tracing the proposed location
9 of the Keystone wall. And again, we have our
10 construction detail and cross-section here.

11 And this wall has its own challenges in
12 that this is a very steep slope to work on. We are
13 indicating a circular access around the townhouse
14 units. And this has been discussed with the
15 Homeowners Association, which is a decision they have
16 to make.

17 And, basically it's really a time
18 factor. You can understand, you're transporting in
19 crushed stone, concrete block. And yes, there will
20 be a skid steerer. Because once we -- once this base
21 course is placed and we're up three or three courses,
22 the actual wall construction becomes the haul road.
23 We are constructing a level plain here.

24 So the actual -- which a 12-foot
25 separation is important. And it is -- from the

1 corner of the sawtoothed wall to the back of the wall
2 is 12 feet.

3 And what gets interesting here is that
4 we have to take into consideration the batter of the
5 wall. That becomes significant as the walls get
6 taller.

7 Dylan, if you can pan into the wall?
8 Let me point some things out here. Everybody can see
9 my cursor?

10 IT MODERATOR: No. Just tell me where
11 to point.

12 MR. LATINCSICS: Okay. I'm pointing at
13 the outer orange line, okay.

14 IT MODERATOR: Okay.

15 MR. LATINCSICS: Do I have the ability
16 to point my cursor?

17 Can people see my cursor?

18 IT MODERATOR: No, because you're not
19 sharing; I'm sharing.

20 MR. LATINCSICS: How about now? Okay.
21 All right.

22 Do you see this outer drop? Drop your
23 cursor down, down to the outer orange line in front
24 -- okay, that's limit of disturbance. Go one orange
25 line in.

1 That's the toe of the wall. That's the
2 base of the wall. But we have to take the batter of
3 the wall or the variable height of the wall into
4 consideration.

5 So the bold line is where the top of
6 the wall lines up when we take the batter of the wall
7 into consideration, which is 12-foot in front of the
8 sawtoothed existing wall on that steep slope. So
9 this is some challenging construction here.

10 And not to -- it's not really a
11 planning board consideration, but let me just point
12 out for the record.

13 In this project, what we've done is
14 we've reached out to the manufacturer directly,
15 Keystone. Their corporate offices are in Minnesota.
16 These blocks are actually franchised out on a state
17 level. You pay for the mold and you become an
18 official Keystone distributor.

19 So we have the benefit of working with
20 the home office. And we asked them for a list of
21 their A list contractors.

22 If the manufacturer was recommending a
23 contractor and they don't want to have any problems,
24 who would they recommend?

25 And they provided us a list of four to

1 five contractors who specialize in such construction.
2 And we have already distributed these plans. Because
3 a wise engineer listens to contractors and we share
4 the experience and we incorporate their comments and
5 design into this application. So there is a lot
6 going on here.

7 One of the things of this is, if we
8 have a circular access around this wall, it cuts the
9 time in half.

10 If you're transporting materials in and
11 out, you don't have to back up. We're going to limit
12 the back-up beepers on the skid steers and the
13 equipment. And the project will move more smoothly
14 within that three-month window that we have given
15 ourselves.

16 Now, just to -- and I note it's 9:35.
17 We have a soil movement application. I'm going to
18 throw out some statistics and numbers. We've had a
19 visual review. We've looked at the plans. I think
20 everybody hopefully has a feel for the site now.
21 Just to put some numbers on the record.

22 The lower wall is 174 feet long.
23 Maximum height of 8 feet. Your ordinance calls out 4
24 feet maximum height. If it's taller than 4 feet, you
25 are asked to tier the walls.

1 We are proposing a single wall because
2 it's a better wall system. Tiered walls typically
3 are problematic. But this wall, the lower wall, has
4 a face footage of 1,700 square feet. The middle wall
5 is 276 feet long, a maximum height of 12.33 feet, a
6 base footage of 2,775 square feet.

7 The upper wall is also 174 feet,
8 maximum height of 10 feet, and 1,403 square feet. So
9 the total face footage of these walls is 5,878 square
10 feet of wall with 416 cap block, which is 160 pallets
11 of block.

12 In terms of soil movement -- and there's
13 different types of soil movement associated here.
14 The biggest -- these walls are backfilled with
15 crushed stone, three-quarter-inch clean crushed
16 stone, which is an inherent construction element of
17 these walls, as well as the drainage element.

18 And the leveling pad to fill the blocks
19 with core. I have an expression: Crushed stone is
20 the duct tape of site engineering.

21 For our leveling pad, there are 64
22 cubic yards of crushed stone, 973 cubic yards of
23 crushed stone for drainage behind the walls, another
24 85 cubic yards of crushed stones to fill the cores of
25 the block wall, so on and so forth. 1,441 cubic

1 yards of crushed stone, or approximately 80 truck
2 loads, 18 cubic yards per truck, will be imported
3 over a three-month period.

4 Then there's the select fill soil for
5 the wall repair: 500 cubic yards for the lower wall,
6 about 28 truck loads. The middle wall is 58 cubic
7 yards -- excuse me -- 1,035 cubic yards, or 58 truck
8 loads. The upper wall is 458 cubic yards, or 25
9 truck loads. A total of, round numbers, 2,000 cubic
10 yards or 110 truck loads of soil being imported over
11 a three-month period.

12 Then we have the dredging, which is --
13 there will be obviously a central dredging at the
14 base of the walls to get down to the solid bearing
15 material. And there will be courses of block below
16 grade. A minimum of three course is the block below
17 grade on the top -- if you look at the detail in the
18 lower right-hand corner of the plan sheet, you can
19 see there is a crushed stone leveling pad, three
20 courses of block minimum, crushed stones behind the
21 wall for drainage, et cetera, et cetera.

22 But then there is a pond dredging and a
23 lot of erosion control measures. The pond will be
24 lower to construct the walls. Mobilization.
25 Stockpile areas.

1 It would be good planning to dredge
2 these ponds. I can't speak for the Homeowners
3 Association, but I believe ultimately it's going to
4 be a budget and expense decision.

5 But in terms of soil movement, if the
6 upper pond was dredged just 1 foot, that's 163 cubic
7 yards of material.

8 But clearly it's going to be at least 2
9 feet of dredging, 326 cubic yards of material to be
10 dredged. It would be stockpiled onsite, because that
11 is wet material, and it is very difficult to truck
12 wet soil, for any number of reasons.

13 So it would be stockpiled onsite to
14 allow it to dry out. And I believe the Village has
15 experience in such matters.

16 The middle pond, if 2 feet was dredged,
17 that's another 542 cubic yards. And the lower pond,
18 which is the largest pond, in least need of dredging,
19 is another 1,000 cubic yards of dredging with a
20 2-foot dredge. That in round numbers is 1,950 cubic
21 yards of soil movement, or 108 cubic yards. And that
22 material was to be exported. The crushed stone, the
23 select fill behind the walls, the concrete block,
24 that is all import. The dredging would be export.

25 And, again, these are different steps

1 in the construction sequence over a three-month
2 period. If you total everything up -- and not -- I
3 don't want to alarm people, but let's just put the
4 number on the record. The crushed stone, the
5 concrete block, select fill, the dredging, if you
6 total all of that up, 5,876 cubic yards of soil
7 movement, or if you extrapolate that to truck load,
8 326 truckloads of material.

9 So those are just statistics, which
10 ultimately -- unfortunately for the Homeowners
11 Association, ultimately translates to dollars.

12 I'm sure everybody is wondering total
13 project cost. This is absolutely north of
14 \$450,000.00 worth of construction. And that's
15 without the dredging.

16 Just the wall construction is north of
17 \$450,000.00. And modifications and variations come,
18 it jumps up \$50,000.00 to \$100,000.00. When you say,
19 well, what happens if I -- you know, we did an
20 alternative analysis. And a large degree of that is
21 just the sheer size and length of the walls and of
22 course the access. You know, there is an industry
23 recognized standard per face foot. But when you have
24 to carry the block in, those industry standards go by
25 the wayside.

1 The plans do detail tree removal. If
2 we go to the lower Plan Sheet 3, to the left of the
3 title block. I think we have Plan Sheet 4 up.

4 Can we go to Plan Sheet 3?

5 IT MODERATOR: Okay.

6 MR. LATINCSICS: Okay. If you just
7 scroll down there's a tree replacement schedule
8 there. Drop down to the lower margin. Okay, move to
9 the right. Lower right-hand corner. Okay.

10 Tree removal: 16 trees, which are
11 indicated by the bold red Xs on the plan sheet, 16
12 trees are being removed. Twenty-six trees are being
13 proposed.

14 And there's an emphasis on native
15 indigenous riparian zone species, specifically -- I'm
16 looking right at the chart -- October Glories, very
17 popular, very adaptable trees. Actually, there's one
18 Norway spruce that will block the line of sight from
19 neighbors. Black willows, swamp oaks, pin oaks.
20 These are a total of 26 trees.

21 And this is open to, you know, any
22 input from the Village professionals. You can see I
23 like October Glories, maples. I said 20 of them. We
24 can certainly have a greater variation.

25 But there are 16 trees to be removed.

1 There is no tree removal adjacent to any of the
2 townhouse units on top of the wall.

3 So I note it's 9:45. Going to my
4 transmittal again -- and I think we provide a summary
5 here. I want to finish up with specific approvals
6 being requested.

7 Specific approvals being requested is an
8 amended site plan approval to repair and supplement
9 the dated landscape tie-crib walls which were
10 originally approved; a major soil movement
11 application, which I summarized the quantities
12 previously.

13 We are asking for a variance of
14 Section 190-124F3C3.

15 In short, the ordinance limits walls to
16 4 foot. If they're taller than 4 foot, they should
17 be tiered, not to exceed 12 feet. The existing wall
18 is 12.6 feet tall. We are asking for the wall to be
19 12.33. I would ask for a foot variance just for
20 field conditions. I emphasize that is only for a
21 length of 42 feet or 6.7 percent of the 624-foot
22 length of wall.

23 And that is to be clear. But we are
24 asking for a variance, or a continuation of an
25 existing variance condition.

1 We are asking for a waiver or variance
2 of the riparian zone standards which prohibits
3 construction in the riparian zone.

4 But the ordinance has a provision for
5 exceptions if there is undue hardship, and that's
6 certainly the case here.

7 We have an additional request here.
8 This project, DEP has jurisdiction here. They have
9 jurisdiction over the riparian zone. Which is, if
10 you're looking at Plan Sheet 3 of 8, the riparian
11 zone is that green line identified as "Riparian
12 Zone." And what's interesting from a legal
13 perspective, that is drawn not from the existing edge
14 of the ponds, but where this was in 1983.

15 So, for example, the DEP riparian zone
16 extends through the middle of Unit 43, whether we
17 like it or not. There's no vegetation in that living
18 room so there's limited jurisdiction.

19 But the riparian zone is measured --
20 and we had an extensive pre-application meeting with
21 the DEP and this is an interesting element of this
22 application.

23 We are asking that the DEP have -- what
24 is the word I'm searching for -- priority. And David
25 will word it better than I. That the DEP's riparian

1 zone standards supercede the Village's.

2 It's clear that the Village's ordinance
3 is patterned after the DEP regulations. This project
4 is subject to a DEP permit. And we would ask that,
5 simply put, if we meet DEP standards, that that would
6 be sufficient for the Village. But I will defer to
7 David to word that better than I can.

8 And we do have two waivers. This is an
9 amended site plan application. There are some
10 cost-generative checklist items that we're asking for
11 a waiver that had nothing to do with this wall
12 project, but to cross our Ts and dotting the Is,
13 we're asking for a waiver of those site plan
14 checklist items; and a catchall, any approvals,
15 waivers or variances the board deems necessary.

16 And with that, I will stop talking.

17 MR. RUTHERFORD: Mr. Chairman, I just
18 had a few questions.

19 Mr. Chairman, first of all, I'll ask
20 how much more time the board feels it can devote to
21 this matter this evening.

22 CHAIRMAN JOEL: Well, I mean, it
23 depends.

24 Why don't you go on with your
25 questioning. It might lead to other questions.

1 MR. RUTHERFORD: That's fine.
2 What I think I'm probably going to do,
3 Mr. Chairman, is order a transcript of this evening's
4 meeting. That's certainly for the convenience of the
5 board members who were not able to be here tonight.
6 It's also going to be for my use because I will want
7 to review Mr. Latincsics' testimony. There may be a
8 few items I want to supplement and expand on a bit at
9 the next meeting.

10 But I just had a few questions. Then
11 we're certainly a happy to hear feedback or comments
12 form the board and interested parties.

13 BY MR. RUTHERFORD:

14 Q. Mr. Latincsics, just a couple of real
15 quick questions.

16 First of all, the plan -- and it should
17 be clear by now -- calls for the existing crib walls
18 essentially to be buried, correct?

19 A. Correct.

20 Q. Yes.

21 And that was discussed -- I know we
22 discussed that a few years ago at the concept
23 hearing. There is no concern or issue with that at
24 all in terms of environmental impact or anything
25 else, that's an accepted way of dealing with these

1 walls

2 Is that correct?

3 A. Correct.

4 These walls have been buried since the
5 day they were built, simply put. That wall extends
6 11 feet behind the face of the wall. So that wall
7 remains in place.

8 As a practical matter, the upper levels
9 of the wall, to accommodate grading and just good
10 practice, will be removed.

11 But portions of that wall will remain
12 buried, yes.

13 Q. And in general terms there is then --
14 you testified to this -- a 35 percent slope down
15 from, for lack of a better word, from the point of
16 the existing crib wall to the top of the new Keystone
17 grid wall.

18 Is that correct?

19 A. Correct.

20 Q. Okay. And I think -- what will that
21 do? Or will that create additional a rear yard area
22 for some of these units, or how would you
23 characterize the usability or functionality of the 30
24 percent slope?

25 A. There is no utility as a rear yard on

1 that slope. That is a 1-on-3, 30 degree slope, and
2 there will be a fence. That is not a rear yard area.
3 It is a slope.

4 For erosion control measures, we have
5 specified crown veg, which is a clover-like ground
6 cover, a low maintenance.

7 The plans currently call out a split
8 rail fence 3 to 4 feet behind the wall. It can
9 easily be put at the top of the slope, and perhaps
10 should be from a safety perspective.

11 But this is absolutely not a project to
12 create rear yard area. It is to repair the walls.

13 Q. What's the life span of the walls that
14 are proposed to be installed?

15 A. Again, well, they are concrete walls.
16 Absolutely 50 years. Probably 75 to 100 years. And
17 the one item, as we all know with concrete, the one
18 item they're susceptible to is salt. I'm sure Chris
19 knows this from his curbs and sidewalks. I don't if
20 he'll acknowledge.

21 This is in a protected area. No one is
22 spreading or spraying salt in this location. Yes, we
23 have runoff from the municipal storm sewer system.

24 But certainly it's diluted by that
25 point in time. And, yes, there can be measures to

1 increase. For example, there's coatings to put on
2 the wall. It becomes a cost factor.

3 But as a practical -- at Conklin
4 Associates, we have constructed walls in the late
5 '80s, early '90s, very similar walls. They are as
6 good today as the day they were constructed.

7 Q. And you have shown cross-sections in
8 your plan, have you not, those plan sheets. I think
9 it's Plan Sheet 6 and perhaps 6 and 7, or 5 and 6

10 Is that right?

11 A. Plan Sheet 6 provides cross-sections to
12 all the retaining walls. And also an element and
13 soil movement calculations are also provided with
14 those cross-sections.

15 Q. Maybe we could look at that just very
16 briefly. I don't want to spend a lot of time on that
17 necessarily. And we're not going to obviously go
18 through each point on it. But maybe you could just
19 run the board very quickly through Sheet 6 so they
20 understand what they're looking at and they can
21 examine that at their leisure at another time.

22 MR. RUTHERFORD: If we could have Sheet
23 6, please?

24 Dylan, could you display Sheet 6,
25 please?

1 IT MODERATOR: What's that?

2 MR. RUTHERFORD: If you could just
3 display Sheet 6, please?

4 IT MODERATOR: Sorry about that. One
5 second.

6 MR. RUTHERFORD: That's fine.

7 MR. LATINCSICS: Okay, now this is --

8 MR. RUTHERFORD: That's not the one.

9 MR. LATINCSICS: These are
10 cross-sections through the upper three walls. You
11 know, I will acknowledge very -- you know, it's very
12 techie from an engineering perspective.

13 But the left-hand edge of the
14 cross-section is actually the existing wall. And it
15 shows the 3-on-1 slope transitioning down to -- the
16 right edge of each cross-section is the proposed
17 wall. So you can see the slope from the existing
18 upper wall.

19 And, for example, if you want to look
20 at some of the detail. If you look at the upper
21 wall, if you look at the left edge of the
22 cross-section, you can actually see the two tiers.
23 There's the upper wall, the bench between the walls,
24 and then the lower wall.

25 The shaded area is the fill that we are

1 placing that is held up by the right edge of the
2 cross-section, which is the geogrid-reinforced
3 Keystone wall. It's known as the average end area
4 where we calculate the fill. You can see how the
5 wall height varies.

6 Now, if the goal was to create a level
7 backyard area, which is not the case, you could see
8 it would have to double the height of these walls,
9 and hence double the cost of the walls. It's very
10 easy to -- for example, if you look at the upper
11 wall, you could see if the goal was to create a level
12 backyard area, it's that much more wall, 12 foot, it
13 would be a 4- to 6-foot more wall across the length
14 of the wall, so on and so forth. But these
15 cross-sections are provided.

16 And you can see -- and this was a
17 question that's been posed to me. We are not block
18 -- because of that 3-on-1 slope, we are not blocking
19 the line of sight from the back of the Kira Lane
20 townhouse units.

21 I mean, most of them have 6-foot-high
22 stockage fences, so I'm not sure to what extent
23 a line of sight is a concern.

24 But if it is a concern, you can see
25 that slope does not block the line of sight down to

1 the ponds, if that is a concern.

2 Now, let me -- go ahead.

3 Q. No, that's all right. Go ahead. I'm
4 sorry.

5 A. Okay, if I have a spare moment. On the
6 -- circling back on the variance waiver for the
7 riparian zone disturbance under your ordinance.

8 I'm reading from Section 6 of the
9 ordinance, Exceptions:

10 "The disturbance of riparian buffer
11 zones shall only be permitted for the
12 following activities."

13 And then it is different categories.

14 "Category B: New disturbance necessary
15 to protect the public health, safety or
16 welfare, such but not limited to necessary or
17 linear development or access for utilities
18 where no feasibility alternative exists to
19 such disturbance."

20 I would submit we meet that standard
21 exactly.

22 Also, Item D of Section 6:

23 "New disturbance necessary to prevent
24 extraordinary hardship on the property owner
25 peculiar to the property."

1 I think we meet that criteria dead-on.
2 So that is in support of the waiver/variance for
3 riparian zone disturbance under the Village
4 ordinance. And I just wanted to add that to clarify
5 that.

6 BY MR. RUTHERFORD:

7 Q. And you indicated earlier that a DEP
8 permit is required for this work.

9 Would it be accurate to say, from an
10 engineering perspective, that the purpose and intent
11 of the DEP in terms of how this plan will be analyzed
12 is essentially consistent with the purpose and
13 objective of the Village ordinance as well, which is
14 to protect the riparian areas.

15 Is that right?

16 A. Absolutely.

17 Q. Okay. And just talk very briefly, if
18 you could, about the construction sequence here, just
19 in terms of what gets done first, what gets done
20 second, what gets done third, what gets done last.

21 A. Well, I wish a design engineer would
22 have more control over that. Ultimately, that is
23 going to be the contractor's responsibility.

24 But a proper contractor who fits the
25 scope of this project will have multiple crews.

1 They are going to need to set up their
2 obviously pre-construction meeting, establish their
3 erosion control measures. We do have the -- we have
4 submitted these plans to the Bergen County Soil
5 Conservation District and have received approvals.

6 They're going to establish their access
7 points. Certainly Durar Avenue at the south
8 downstream end of the site, that circular around
9 those townhouse units. Establish a proper access out
10 to Cedar Avenue.

11 In Chris Rutishauser's report, he had
12 indicated that his expectation is proper protection
13 of the Village sewer, and that will be addressed.

14 The stockpile areas will be
15 established, erosion control measures placed.

16 While all that is going on, the ponds
17 will be lowered by pumping down the ponds.

18 And that is detailed on the plans. And
19 we will be utilizing the silt chamber and the storm
20 sewer manholes as pump chambers. And under a normal
21 condition, to be clear, the pumps will be able to
22 keep up with a no-flow or a low-flow. I'm sure we
23 could get 5 inches of the rain the day we start.

24 To be clear, the pumps at that point,
25 that flow will flow into the ponds as a normal

1 occurrence.

2 Then tree removal will follow. And
3 then the dredging of the ponds necessary to set your
4 base course of the crushed stone.

5 And as a practical matter, the
6 contractor is going to have to be flexible. There
7 are going to be delays so they'll have to be able to
8 shift crews from one task to another.

9 For example, if there's a delay in block
10 delivery -- who knows why, a tractor trailer accident
11 on 287, there is no delivery today -- he needs to be
12 able to be placing crushed stone on another element
13 of this project.

14 And once the base course and we're up
15 three courses of block, that's when production picks
16 up. Because we'll have a leveling haul road behind
17 the walls. And we're also compacting that select
18 fill as they're tracking material back and forth.
19 And these walls will rise up.

20 But, ultimately -- I mean, that's a
21 simplified summary -- but that's going to be the
22 contractor's responsibility.

23 MR. RUTHERFORD: Okay. And the last
24 question, Mr. Chairman, because I want to leave some
25 time for questions and comments.

1 BY MR. RUTHERFORD:

2 Q. Mr. Latincsics, just comment briefly on
3 the visibility of these walls from beyond the
4 confines of the Hopper Ridge complex.

5 A. Okay.

6 Simply put, they're in the middle of
7 the site in a ravine. They are not visible from the
8 perimeter of the site, with one exception. A direct
9 neighbor on Cedar Avenue, when the foliage is down,
10 he may be able to see. Which is why we specified a
11 Norway spruce.

12 If you look at Plan Sheet 3 of 8, we
13 specified a Norway spruce at the north end of the
14 wall to block that line of sight.

15 But simply put, other than one
16 neighbor, these walls are not visible until you're on
17 top of them.

18 At the south end, they're totally
19 hidden by the berm around the lower detention pond.
20 They are in the middle of the site in a ravine.

21 Q. And there are these buildings, two
22 buildings of each of four units on the -- I'm going
23 to say the east side of these ponds as well, correct,
24 between that --

25 A. Correct.

1 Q. -- and South Irving Street?

2 A. Yeah. There's two-and-a-half, correct.

3 MR. RUTHERFORD: Mr. Chairman, that's
4 all I have, subject to what I said a little bit.

5 I said I will be providing a transcript
6 and I may well have some more direct testimony.

7 I'm sure there will be questions also
8 that will require additional testimony from
9 Mr. Latincsics.

10 But that's all we have for now.

11 I thank you and the board for your
12 patience. This is a bit tedious, I admit.

13 But I appreciate the patience of the
14 board.

15 Thank you.

16 CHAIRMAN JOEL: Okay. Chris, for
17 housekeeping, should we proceed with cross, or -- I
18 mean, with the hour and stuff, I'm just trying to
19 figure what would be the best flow on it.

20 MR. MARTIN: Certainly, the best flow
21 would be to adjourn, have cross, board questions,
22 public questions, because, quite frankly, that could
23 go for at least an hour or more.

24 CHAIRMAN JOEL: Yeah. That was my
25 concern.

1 If we started to get into it -- you
2 know, I'm sure the board -- it is a very big project,
3 so I anticipate a lot of questions.

4 And I also saw someone in the chat.

5 MS. HOOBAN: Yeah.

6 There are several questions in the Q
7 and A section. I think an (Audio Distortion) I'm not
8 sure which, and a couple of raised hands. I don't
9 know if it's worth trying to get into those now.

10 CHAIRMAN JOEL: Well, no, we're not
11 going to get into those. I just wanted the people to
12 know that they're able to ask questions of the
13 engineer after the board and the board professional
14 question -- ask questions.

15 So they'll have their opportunity. So
16 it's not really that they should post anything on the
17 chat or anything. They'll have the opportunity to
18 ask questions.

19 So I guess for the flow, I didn't want
20 to get into it and then kind of have to stop it and
21 then pick up. It might disjoin. Where it might be
22 better if we stop it now. You can actually review
23 and fill up anything else at the next meeting.

24 And then we can pick up from there.
25 Then we can get into questions from the board, board

1 professionals, and then from the public.

2 MR. RUTHERFORD: I think that makes
3 sense, Mr. Chairman.

4 As I indicated earlier, I will provide
5 a transcript. I think that will be helpful for all
6 of us to kind of parse through the testimony heard
7 this evening.

8 CHAIRMAN JOEL: Okay. And there was a
9 lot of exhibits. They are posted on the website. So
10 for anyone that's listening, that they can review
11 those.

12 I think that would be helpful.

13 MR. RUTHERFORD: Yes.

14 Mr. Chairman, we did not post on the
15 website. You know, when this application was filed,
16 there was a multitude of documents and exhibits
17 provided.

18 They have not all been posted, quite
19 honestly, because we are a little concerned that
20 doing so might be a little bit overwhelming.

21 I know that -- my understanding is that
22 the Village Hall is now open to the public, if
23 members wish to visit.

24 And I believe that those are visible
25 now and can be viewed at the Village Hall.

1 I think probably in the interest of
2 being complete and at the risk of overwhelming the
3 board with documents, I think we will proceed to post
4 and provide to Ms. Wondergem for posting on the
5 Village website the balance of the exhibits that were
6 provided when this application was filed.

7 We're not going to necessarily refer to
8 all of them. There's the subdivision maps and other
9 historical data that I don't think we're necessarily
10 going to have to deal with, but it might be helpful
11 background information for the board as well as the
12 public.

13 CHAIRMAN JOEL: Okay. That's a good
14 idea.

15 Did you want to carry this to the next
16 meeting, the October 20th meeting.

17 MR. RUTHERFORD: I think that's fine.
18 I'll let our court reporter know right now, if she
19 can start on the transcript, that would be great.

20 Mr. Latincsics, are you available on
21 the 20th?

22 MR. LATINCSICS: Yes.

23 MR. RUTHERFORD: Yes. Okay, then,
24 Mr. Chairman, that will be fine.

25 I appreciate that we can keep this

1 moving forward.

2 MR. MARTIN: And extension with no
3 prejudice to the board, correct.

4 MR. RUTHERFORD: Oh, absolutely.
5 An extension of time as needed,
6 Mr. Martin.

7 Thank you.

8 MS. HOOBAN: In the light of the fact
9 that we have about 20 or so residents, maybe more,
10 participating or ask -- raising hands and, yes,
11 asking questions, can you just let them know how they
12 may be able to submit questions for the next meeting
13 since they didn't get there tonight, or if they can't
14 attend, maybe we can just let them know.

15 MR. MARTIN: If I may, Melanie.

16 That's a good point. I was going to
17 say. At the next meeting the engineer will be
18 recalled. I don't think Mr. Rutherford has nearly as
19 long as he did tonight, if at all. But he might have
20 some.

21 Then the engineer for the board, the
22 planner, the board members, myself would have a
23 chance to, as the Chair said, cross-examine or ask
24 questions, probative questions as to the testimony.

25 And then it would be opened up to the

1 public. At that point, you know, the public can go
2 forward and ask their questions that they have
3 written down or can hold from tonight, that they can
4 pick up from any of the testimony at the next
5 meeting, and then they can go through those before
6 any other witness is called.

7 So they don't have to wait for any
8 other witness to be called and then have the engineer
9 called back. They can do it right at that meeting at
10 the next meeting.

11 CHAIRMAN JOEL: And the questions from
12 the public should be geared towards Tibor's testimony
13 and focused on that.

14 It's not to make statements or anything
15 like that. So make it pointed toward what he
16 testified to.

17 MR. MARTIN: There will be time to make
18 statements at the end, that's correct.

19 MS. HOOBAN: Do resident have an
20 ability, since we're doing Zoom or these remote
21 meetings, submit questions, or that's strictly as
22 usual?

23 MR. MARTIN: It would have to be, like,
24 a regular meeting. Sort of, like yourself, you get
25 to ask the questions at the meeting.

1 If an individual can't be at the
2 meeting, there's no ability really for them to
3 approach the witness.

4 MS. HOOBAN: Thank you.

5 I was more clarifying for some people
6 who posted that specific question in the comments.

7 CHAIRMAN JOEL: What people can do, if
8 there's a group of people and one person can't make
9 it, if they can provide questions to that person and
10 ask the question from -- you know, from the witness.

11 MR. MARTIN: As if it was their own
12 question, yes.

13 CHAIRMAN JOEL: Yeah, okay.

14 Anything else guys?

15 (No response.)

16 CHAIRMAN JOEL: All right. All right,
17 Dave, thank you very much.

18 MR. RUTHERFORD: Thank you,
19 Mr. Chairman, Members of the Board. I appreciate it.
20 Thank you.

21 (Whereupon, this matter is continuing
22 at a future date. Time noted: 10:12 p.m.)

23
24
25

C E R T I F I C A T E

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I, RONDA L. REINSTEIN, a Certified Court Reporter of the State of New Jersey, authorized to administer oaths pursuant to R.S.41:2-2, do hereby certify that the foregoing is a true and accurate transcript of the testimony as taken stenographically by and before me at the time, place and on the date herein before set forth, to the best of my ability.

I DO FURTHER CERTIFY that I am neither a relative nor employee nor attorney nor counsel of any of the parties to this action, and that I am neither a relative nor employee of such attorney or counsel, and that I am not financially interested in the action.

RONDA L. REINSTEIN, CCR No. 30X100217800